

1 ALDERSON COURT REPORTING  
2 REBECCA L. STONEROCK  
3 HVC180550

4 INTERVIEW OF: CDR JEAN-PAUL CHRETIEN  
5 Thursday, June 29, 2023  
6 U.S. House of Representatives  
7 Select Subcommittee on the Coronavirus Pandemic  
8 Committee on Oversight and Accountability  
9 Washington, D.C.

10 The interview in the above matter was held in Room 2157  
11 of the Rayburn House Office Building commencing at 9:58 a.m.

12 Appearances:

13 For the COMMITTEE ON OVERSIGHT AND ACCOUNTABILITY:

14

15 MITCHELL BENZINE, Staff Director, Majority Staff

16 JACK EMMER, Majority Counsel

17 ██████████ ██████████, Minority Chief Counsel

18 ██████████ ██████████, Minority Counsel

19

20 ██████████ ██████████, Department of Navy,

21 Office of Legislative Affairs, Counsel

22 ██████████ ██████████, Department of Navy,

23 Office of Legislative Affairs, Counsel

24 ██████████ ██████████, Office of the Secretary of Defense,

25 Legislative Affairs, Advisor

26 ██████████ ██████████, DARPA, Chief of Legislative Affairs

27 ██████████ ██████████, Defense Intelligence Agency,

28 Office of General Counsel

29 ██████████ ██████████, Defense Intelligence Agency,

30 Congressional Affairs

31

32 ██████████ ██████████, Department of Defense,

33 Office of General Counsel, Attorney-Adviser

34 P R O C E E D I N G S

35 Mr. Benzine. We can go on the record. This is the  
36 transcribed interview of Commander Jean-Paul Chretien  
37 conducted by the House Select Subcommittee on the Coronavirus  
38 Pandemic under the authority granted to it by House  
39 Resolution 5 and the rules of the Committee on Oversight and  
40 Accountability.

41 This interview was requested by Chairman Brad Wenstrup  
42 as part of the Select Subcommittee's oversight of the federal  
43 government's response to the Coronavirus pandemic. Further,  
44 pursuant to House Resolution 5, the Select Subcommittee has  
45 wide-ranging jurisdiction, but specifically to investigate  
46 the origins of the Coronavirus pandemic, including, but not  
47 limited to, the federal government's funding of  
48 gain-of-function research.

49 Can the witness please state his name and spell his  
50 last name for the record?

51 CDR Chretien. Jean-Paul Chretien, C-H-R-E-T-I-E-N.

52 Mr. Benzine. Thank you. Commander Chretien, my name  
53 is Mitch Benzine and I'm the staff director for the majority  
54 staff of the Select Subcommittee. I want to thank you for  
55 coming in today for this interview. The Select Subcommittee  
56 recognizes that you are here voluntarily and we appreciate  
57 that.

58 Under the Select Subcommittee and Committee on

59 Oversight and Accountability's rules, you are allowed to have  
60 an attorney present to advise you during this interview. Do  
61 you have an attorney representing you in a personal capacity  
62 with you today?

63 CDR Chretien. No.

64 Mr. Benzine. Is there an attorney present  
65 representing your agency with you today?

66 CDR Chretien. Yes.

67 Mr. Benzine. Will counsel please identify themselves  
68 for the record?

69 [REDACTED]. My name is [REDACTED] with DOD  
70 OGC -- Office of General Counsel.

71 Mr. Benzine. Will the other agency staff please  
72 identify themselves for the record?

73 [REDACTED]. So [REDACTED] with DARPA, Legislative  
74 Affairs.

75 [REDACTED]. [REDACTED] with OSD, Legislative Affairs.

76 [REDACTED]. [REDACTED], counsel, Navy Office of  
77 Legislative Affairs.

78 [REDACTED]. [REDACTED], Legislative Affairs from  
79 Navy as well.

80 [REDACTED]. [REDACTED], Defense Intelligence Agency,  
81 Office of General Counsel.

82 [REDACTED]. [REDACTED], Defense Intelligence  
83 Agency, Congressional Affairs.

84           Mr. Benzine. All right. Thank you. Again for the  
85 record starting with the remainder of the majority staff, can  
86 the additional Congressional staff members please introduce  
87 themselves with their name, title, and affiliation?

88           Mr. Emmer. Jack Emmer, counsel, majority staff.

89           ██████████. ██████████ ██████████, minority counsel.

90           ██████████. ██████████ ██████████ chief minority  
91 counsel.

92           Mr. Benzine. Thank you.

93           Commander Chretien, before we begin I'd like to go  
94 over the ground rules for this interview. The way this  
95 interview will proceed is as follows. The majority and  
96 minority staff will alternate asking you questions one half  
97 hour per side per round until each side is finished with  
98 their questioning. The majority staff will begin and proceed  
99 for a half hour and then the minority staff will have a half  
100 hour to ask questions. We will then alternate back and forth  
101 in this manner until both sides have no more questions.

102           If either side is in the middle of a specific line of  
103 questions, they may choose to end a few minutes past the half  
104 hour to ensure completion of that specific line of  
105 questioning, including any pertinent follow-ups. In this  
106 interview, while one member of the staff of each side may  
107 lead the questioning, additional staff may ask questions.

108           There is a court reporter taking down everything I say

109 and everything you say to make a written record of the  
110 interview. For the record to be clear, please wait until the  
111 staffer questioning you finishes each question before you  
112 begin your answer. And the staffer will wait until you  
113 finish your response before proceeding to the next question.

114 Further, to ensure the court reporter can properly  
115 record this interview, please speak clearly, concisely and  
116 slowly. Also, the court reporter cannot record nonverbal  
117 answers such as nodding or shaking your head, so it is  
118 important that you answer each question with an audible,  
119 verbal answer.

120 Exhibits may be entered into the record. Majority  
121 exhibits will be identified numerically. Minority exhibits  
122 will be identified alphabetically. Do you understand?

123 CDR Chretien. Yes.

124 Mr. Benzine. We want you to answer our questions in  
125 the most complete and truthful manner possible, so we will  
126 take our time. If you have any questions or do not fully  
127 understand the question, please let us know. We will attempt  
128 to clarify, add context to, or rephrase our questions. Do  
129 you understand?

130 CDR Chretien. Yes.

131 Mr. Benzine. If we ask about specific conversations  
132 or events in the past and you are unable to recall the exact  
133 words or details, you should testify to the substance of

134 those conversations or events to the best of your  
135 recollection. If you recall only a part of a conversation or  
136 event, you should give us your best recollection of those  
137 events or parts of conversations that you do recall. Do you  
138 understand?

139 CDR Chretien. Yes.

140 Mr. Benzine. Although you are here voluntarily and we  
141 will not swear you in, you are required pursuant to Title 18  
142 Section 1001 of the United States Code to answer questions  
143 from Congress truthfully. This also applies to questions  
144 posed by Congressional staff in this interview. Do you  
145 understand?

146 CDR Chretien. Yes.

147 Mr. Benzine. If at any time you knowingly make false  
148 statements, you could be subject to criminal prosecution. Do  
149 you understand?

150 CDR Chretien. Yes.

151 Mr. Benzine. Is there any reason you are unable to  
152 provide truthful testimony in today's interview?

153 CDR Chretien. No.

154 Mr. Benzine. The Select Subcommittee follows the  
155 Committee's -- follows the rules of the Committee on  
156 Oversight and Accountability. Please note that if you wish  
157 to assert a privilege over any statement today, that  
158 assertion must comply with the rules of the Committee on

159 Oversight and Accountability. Pursuant to that, Committee  
160 Rule 16(c)(1) states, "For the Chair to consider assertions  
161 of privilege over testimony or statements, witnesses or  
162 entities must clearly state the specific privilege being  
163 asserted and the reason for the assertion on or before the  
164 scheduled date of testimony or appearance." Do you  
165 understand?

166 CDR Chretien. Yes.

167 Mr. Benzine. Ordinarily we take a five-minute break  
168 at the end of each half hour of questioning. But if you need  
169 a longer break or a break before that, please let us know and  
170 we will happy to accommodate. However, to the extent that  
171 there is a pending question, we would ask that you finish  
172 answering the question before we take the break. Do you  
173 understand?

174 CDR Chretien. Yes.

175 Mr. Benzine. Do you have any other questions before  
176 we begin?

177 CDR Chretien. No.

178 Mr. Benzine. We will start the majority's first  
179 30-minute round of questioning.

180 EXAMINATION

181 BY MR. BENZINE:

182 Q Again, I want to thank you for taking part in  
183 this interview voluntarily and your work over the years, and



184 start briefly with your education and experience and get more  
185 into substance later. Where did you attend undergraduate  
186 school?

187 A I attended the Naval Academy.

188 Q And what degree did you graduate with?

189 A Political science.

190 Q Do you have any other graduate or doctorate  
191 degrees?

192 A Yes.

193 Q And what are those?

194 A A medical degree from Johns Hopkins School of  
195 Medicine, a PhD in genetic epidemiology from Johns Hopkins  
196 School of Public Health, and a master's in biostatistics,  
197 also from the School of Public Health.

198 Q And who is your current employer?

199 A I'm a naval officer assigned to DARPA.

200 Q Can you elaborate the acronym on the record?

201 A Apologies. Defense Advanced Research Projects  
202 Agency.

203 Q And what is your current job title for DARPA?

204 A I'm a program manager in the Biological  
205 Technologies Office.

206 Q Can you elaborate briefly on what your role  
207 and responsibilities are?

208 A Yes. As a program manager, we propose new

209 ideas for technologies. In my case, biological technologies  
210 or biomedical technologies that would serve a national  
211 security purpose and that would be revolutionary. And also  
212 take on programs started by previous program managers who  
213 have finished their tour and manage those programs as well.

214 Q Can you go through your career up until now?  
215 What other kind of major roles have you had?

216 A Yes. After I graduated from medical school  
217 and graduate school, I served an internship in internal  
218 medicine at what's now the Walter Reed Military Medical  
219 Center. I then served for four years in the Department of  
220 Defense global emerging infections system. I then went back  
221 into training and did a residency in preventive medicine at  
222 the Walter Reed Army Institute of Research. I did a  
223 postdoctoral fellowship in informatics back at Johns Hopkins.

224 I then served with the Marines for two years. I was  
225 with the Second Marine Expeditionary Force in North Carolina  
226 for a year, and then we deployed and I was with the Second  
227 Marine Expeditionary Force forward in Afghanistan. I came  
228 back from that and went to the Armed Forces Health  
229 Surveillance Center, now part of the Defense Health Agency.

230 And then I served a detail to the Office of Science  
231 and Technology Policy at the White House -- I was a senior  
232 policy advisor for biodefense -- and then to the Defense  
233 Intelligence Agency National Center for Medical Intelligence

234 for three years, and then to my current role at DARPA.

235 Q Can you -- specifically your last two roles,  
236 can you walk through your role and responsibilities while at  
237 OSTP?

238 A Yes. So I was the senior policy advisor for  
239 biodefense in the National Security and International Affairs  
240 Division, and my portfolio included pandemic preparedness and  
241 preparedness for and response to infectious disease  
242 outbreaks. And that was the bulk of my assignment there.

243 Q Can you also walk through your roles and  
244 responsibilities while at NCMI?

245 A Yes. At NCMI I served in a billet that my  
246 community -- Navy medical community maintains there, which is  
247 called the clinical consultant. So it's my role to advise  
248 analysts and leadership across the center on intelligence  
249 assessments that they are making and provide a clinical  
250 medical perspective on the range of topics that the center  
251 covers.

252 As a collateral duty, I was also the lead for the  
253 pandemic warning team.

254 Q Can you go into a little bit more detail on  
255 that one, the pandemic warning team?

256 A Yes. The -- a pandemic is one of a number of  
257 so-called "warning problems" that the intelligence community  
258 maintains. NCMI has the lead for the pandemic warning

259 problem. And without getting into classified information, my  
260 job was to work with representatives of agencies across the  
261 IC to provide early warning of pandemics.

262 Q While at these roles there have been various  
263 emerging disease outbreaks that have covered. Can you  
264 explain how you interacted -- and I'm just going to throw  
265 out, like Zika, Ebola, a couple have come up over your  
266 career. Can you explain kind of your experiences in reacting  
267 to those or working those various outbreaks?

268 A Yes. I served in different capacities when  
269 some of those outbreaks you mentioned came about. So, for  
270 example, in one of the earlier Ebola outbreaks I was serving  
271 at the Armed Forces Health Surveillance Center and we worked  
272 with interagency partners to develop models that might help  
273 us forecast how the outbreak would play out and might be  
274 useful for the response.

275 During the Zika outbreak I was at the Office of  
276 Science and Technology Policy, and we convened an interagency  
277 group to provide advice on scientific studies that might be  
278 undertaken to better understand how the outbreak was  
279 unfolding and better respond to the outbreak. And we  
280 worked -- my office at OSTP worked closely with the National  
281 Security Council on -- certainly on large-scale disease  
282 outbreaks, so we advised in a more immediate kind of response  
283 capacity for that.

284           And then while at the National Center for Medical  
285 Intelligence, I participated in those responses essentially  
286 as -- as an intelligence analyst and again providing a  
287 medical perspective for the other analysts there.

288           Q           I'm going to shift to talking about the  
289 COVID-19 pandemic, both kind of where it began and then some  
290 of your work. You're clearly an expert on medicine and  
291 intelligence and various things. What does studying the  
292 origins of an emerging virus tell us to help us prepare for a  
293 future pandemic?

294           A           I think understanding the origins of an  
295 emerging virus is very important for preparedness for future  
296 outbreaks. If we understand the natural reservoir and how  
297 that pathogen ended up in humans in the case of a naturally  
298 emerging outbreak, that may give us ideas about how to  
299 prevent those spillover events and those earlier stages of  
300 human-to-human transmission in the future.

301           And on the other hand, if a pathogen were to be the  
302 result of laboratory manipulation, then that would also be  
303 very useful to know from a laboratory biosafety standpoint.

304           Q           So you touched on kind of the two viable  
305 pathways for a new virus to emerge; zoonotic or laboratory  
306 research related. Briefly can you explain what a zoonotic  
307 event is?

308           A           Yes. A zoonotic event is a pathogen that

309 originates in an animal but is able to infect humans. It may  
310 have already always had the ability to infect humans. It may  
311 adapt to humans through spillover events over time, but at  
312 some point may acquire the ability to not only infect humans,  
313 but to spread among humans, and may have the ability to  
314 spread efficiently from human to human. So this is how  
315 influenza pandemics have come about in the past, for example.

316 Q Are there kind of, like, standard detection  
317 strategies for this kind of event?

318 A The foundation of preparedness for events like  
319 that is public health surveillance, which is monitoring  
320 populations for medical symptoms and diagnostic test results  
321 that may indicate that such an event has occurred.

322 There's also the potential for surveillance in animal  
323 populations as well, and the thinking there is that perhaps  
324 identifying these pathogens in animals may -- before they  
325 infect humans may give us a jump on those -- on those  
326 pathogens.

327 Q And then are there kind of standard  
328 countermeasures to try to slow down or prevent a zoonotic  
329 event?

330 A There are standard prevention measures that  
331 are designed to prevent that spillover in the first place,  
332 and that is largely limiting exposure to humans of animals  
333 that are known to harbor pathogens that may infect humans or

334 that may infect those pathogens. And then there also are  
335 strategies to monitor human populations that are in close  
336 proximity to animals that may harbor these viruses. And so  
337 that may give you an early indicator that a spillover event  
338 has occurred.

339 Q And the other side of the coin, what would you  
340 consider a laboratory or research-related event?

341 A A laboratory event could come about in a  
342 number of ways. It could be that a -- researchers at a  
343 laboratory take a sample from an animal in nature, bring that  
344 sample back to the laboratory and could accidentally infect  
345 themselves while working with that pathogen. In an example  
346 like that, there may be no manipulation of the pathogen at  
347 all. It may be a pathogen that occurred in nature that  
348 infects the laboratory workers.

349 It's also possible that in the course of doing  
350 experiments and in trying to understand the pathogen from  
351 a -- from the standpoint of developing medical  
352 countermeasures, for example, that a worker could infect  
353 themselves or potentially bring that pathogen from the  
354 laboratory and people outside of the lab could be infected.

355 Q What are common laboratory mitigation measures  
356 to try to prevent that kind of event?

357 A It's a couple of things. It's preventive  
358 measures and standard safety practices to reduce the risk of

359 laboratory worker infections. And the specifics of what  
360 those measures are depends on the types of pathogens the  
361 laboratory is working with and can be -- and may be very  
362 stringent for pathogens that cause severe disease, have no  
363 good treatments.

364 And then -- and then there's also the surveillance,  
365 health monitoring of laboratory workers and encouragement of  
366 them to seek -- to seek diagnosis and care if they become  
367 ill.

368 Q Would it include -- so you mentioned operating  
369 at the proper biosafety levels. Would it also include proper  
370 training of laboratory technicians?

371 A Certainly.

372 Q Moving on to specific to COVID-19, you were at  
373 your previous post at NCMI when COVID-19 emerged; is that  
374 correct?

375 A Yes.

376 Q How did -- when did you first hear about what  
377 became COVID-19?

378 A In December of 2019.

379 Q Through the Chinese reporting -- that was  
380 reported on PubMed, right?

381 A It was -- yes, there were reports in PubMed.  
382 And without getting into classified information, we did have  
383 other indications besides public reporting.



384 Q Did you have indications prior to December 31,  
385 2019?

386 A I don't recall the exact date.

387 Q But early --

388 A But December -- certainly in December,  
389 sometime in December of 2019, yeah.

390 Q Is it safe to assume it was prior to  
391 December 31 if you were having indications that weren't on  
392 the Chinese -- the Chinese reported it on December 31, if --

393 A Right. Yes. So we did have earlier  
394 indications, not -- not of something that we knew at that  
395 point was this is coronavirus, but these were -- these were  
396 reports of people becoming sick.

397 Q Without -- if you can, without getting into  
398 the classified space, how did you first hear about it? How  
399 did those reports make it to you?

400 A We monitor a number of open source and -- we  
401 monitored when I was there a number of open source and  
402 classified systems for -- for indicators and warnings of  
403 outbreaks that may have pandemic potential. And so -- and so  
404 part of this is looking for clusters of human disease that  
405 may be consistent with a pathogen that has the ability to  
406 spread from person to person. And so our first indicators  
407 were from one of these systems.

408 Q Were those indications reported up the NCMI

409 chain to the White House or anything?

410 A I don't know what happened beyond NCMI. I  
411 just can't speak to that.

412 Q You touched on it a little bit. And again,  
413 not getting into anything classified here. Can you explain  
414 more the structure of the pandemic warning team at DIA? Is  
415 it all domestic? Is it international? What -- how does that  
416 look like?

417 A Yes, this was a function that NCMI had the  
418 lead for, and so it involved the various technical divisions  
419 within NCMI and also involved a larger community of interest,  
420 which involved other -- other members of the intelligence  
421 community. And we met virtually periodically, regularly  
422 between potential pandemic events to share information on  
423 what types of information we were monitoring, how we can  
424 improve our ability to get an early indicator of a pandemic.

425 And then during an event, which the COVID outbreak was  
426 the only such event during my time there when we were on an  
427 enhanced pandemic warning footing where we exchanged  
428 information more frequently. And we -- and we focused  
429 exclusively internationally.

430 Q What -- so after you got the indications of a  
431 kind of emerging illness in China, what actions did the  
432 pandemic warning team take? What was -- what were next  
433 steps?

434           A           We assessed the information channels. We  
435 monitored for corroborating or complementary information. We  
436 shared information across agencies within our community of  
437 interest, and we wrote reports which were circulated in  
438 the -- in the usual way that reports are within this warning  
439 function within the intelligence community.

440           Q           I want to go through some names of certain  
441 individuals, and just a "yes" or "no" if you communicated  
442 with them, briefed them, e-mailed with them about COVID-19 or  
443 origins of COVID-19.

444           Dr. Francis Collins?

445           A           No.

446           Q           Dr. Anthony Fauci?

447           A           No.

448           Q           Dr. Lawrence Tabak?

449           A           No.

450           Q           Dr. Hugh Auchincloss?

451           A           No.

452           Q           Dr. Cliff Lane?

453           A           No.

454           Q           Dr. David Morens?

455           A           No.

456           Q           Dr. Ping Chen?

457           A           No.

458           Q           Dr. Andrew Pope?

459 A No.

460 Q Dr. Victor Zhao?

461 A No.

462 Q Dr. Robert Redfield?

463 A No.

464 Q Dr. Michael Lauer?

465 A No.

466 Q Dr. David Christian Hassell?

467 A No.

468 Q Dr. Gray Hadley?

469 A No.

470 Q All right. Thank you.

471 We're just rolling through. This might be shorter

472 than we thought.

473 Very -- yeah, we'll go ahead and get started on it.

474 We're going to have to cut it off, though, at some point.

475 I'm going to go ahead and introduce the two majority

476 exhibits. They will be marked Exhibits 1 and 2.

477 (Exhibits 1 and 2 were

478 identified for the record.)

479 BY MR. BENZINE:

480 Q Exhibit 1 the final published version of, "The

481 proximal origin of SARS-CoV-2," written by Dr. Kristian

482 Andersen. And Exhibit 2 -- we'll use them in tandem -- is an

483 unclassified working paper published or drafted on May 26,

484 2020, and you are listed as one of the coauthors.

485 I want to start with Exhibit 2 and then work back to  
486 Exhibit 1, and kind of in the remaining time for my half hour  
487 go through maybe, like, the providence and structure of the  
488 working paper and then get into the details in the next half  
489 hour.

490 So first, is this working paper, I guess, real? Can  
491 you verify the authenticity of this document?

492 A Looking through the version in front of me  
493 briefly now, yes, this does accord with my recollection of  
494 what we wrote.

495 Q Okay. And you were a coauthor on this with  
496 Dr. Greg Cutlip; is that correct?

497 A Yes.

498 Q Who is Dr. Cutlip?

499 A Dr. Cutlip was also an analyst with NCMI at  
500 the time.

501 Q Do you know where he is now?

502 A Last I communicated with him, he was at the  
503 Institute for Defense Analysis.

504 Q Going to kind of how the working paper came to  
505 be, how did you make the determination to draft this paper?

506 A NCMI and other members of the intelligence  
507 community at the time were looking at the origins of the  
508 COVID-19 virus. And so this was a topic that we were

509 following in intelligence reporting and in open source  
510 literature and scientific literature.

511           The first exhibit, the paper by Andersen and  
512 colleagues, had come out recently and had provided evidence  
513 for a natural origin of the virus. The analysts that we  
514 worked with were very interested in that paper, and so we  
515 drafted the second exhibit, this working document, as a  
516 resource for them and provided our assessment of the evidence  
517 provided in the Andersen paper based entirely on scientific  
518 literature and not any intelligence reporting.

519           Q           So it was -- I guess were you directed or told  
520 to draft the paper, or was it in assistance of other efforts  
521 at NCMI?

522           A           Yes, it was to support other efforts, not a  
523 direct tasking.

524           Q           And you eventually transferred the paper to  
525 the analysts for their use?

526           A           We did circulate it within NCMI.

527           Q           Did it get circulated outside of NCMI?

528           A           I don't know.

529           Q           Who is your -- did it get sent beyond the  
530 analysts? Did it get sent to your direct report or the  
531 director of DIA or anybody else?

532           A           I don't know whether it went beyond NCMI or  
533 who beyond NCMI may have seen it. I do recall sharing it

534 with other analysts, and I -- but that's -- that's the best  
535 that I can recall now.

536 Q Was there any follow-up from any of the  
537 analysts? Did they just take it and say thank you or were  
538 there any briefings or questions or anything further?

539 A We did. A number -- a number of analysts did  
540 follow up and we had conversations and talked in more detail.  
541 And we did hold a briefing within NCMI as well.

542 Q And that briefing just kind of outlined what  
543 you put in the paper?

544 A Yes.

545 Q Did it go any further? Not into classified  
546 information, but did it go into any further -- any other  
547 assessments of the available evidence or science regarding  
548 the origins of COVID?

549 A No. We focused on the topics in the paper but  
550 provided more detail and scientific background.

551 Q Okay. I'm going to, like, jump ahead in the  
552 time and then we're going to work our way back. Did -- to  
553 your knowledge, did it go anywhere outside the government?

554 A I don't have any knowledge of that.

555 Q At any point in time, did anyone tell you to  
556 stop pursuing the origins investigation?

557 A No.

558 Q At any time did anyone tell you to stop

559 pursuing this particular work on proximal origin?

560 A No.

561 Mr. Benzine. We're at five minutes until the half  
562 hour, so we'll just break here and --

563 [REDACTED]. Sure.

564 Mr. Benzine. -- go off the record.

565 (Recess.)

566 [REDACTED]. We can go back on the record.

567 BY [REDACTED]:

568 Q Commander Chretien, thank you for coming in  
569 today. My name's [REDACTED]. I'm the chief  
570 minority counsel. Just want to ask a few questions. All the  
571 same guidelines that you discussed with my colleague earlier  
572 also apply to our conversation. And we appreciate your  
573 service to the country over the years.

574 I just wanted to ask a few questions about the  
575 scientific concepts, just picking out a few discrete concepts  
576 from the working paper if I could. And it looks like you  
577 have it in front of you, right?

578 A Yes.

579 Q Great. So just sort of working through it  
580 starting on the first page, I just want to focus  
581 on -- there's the second paragraph of the paper and the first  
582 sentence of that paragraph -- I'll read it out loud. "Here,  
583 we do not advance a particular SARS-CoV-2 origin scenario or



584 take issue with Andersen et al.'s conclusions." I just kind  
585 of wanted to break down both parts of that sentence. Am I  
586 right to presume that that first part means what it says? In  
587 other words, this working paper does not purport to take a  
588 particular position on the origin of SARS-CoV-2; is that  
589 right?

590 A That's correct.

591 Q Okay. And the second part I was a little  
592 curious about. In other words, is it it's that the working  
593 paper does not take issue with the conclusions that Andersen  
594 reached, but maybe takes issue with the way that he got  
595 there? Is that it?

596 A What we -- or at least what I had in mind  
597 there was their overarching conclusion that this virus was  
598 likely of natural origin. And so it was not -- it was not  
599 that conclusion that we were arguing against. We were  
600 assessing the evidence that they were using -- some of the  
601 evidence they were using to support that conclusion.

602 Q And this is sort of a fault of lawyers  
603 sometimes. I hate to overly parse the words, but is it not  
604 taking issue with the conclusion in the sense of I sort of  
605 agree with the conclusion, or I'm really just not taking a  
606 position either way and I'm not objecting to the conclusion,  
607 I'm just talking about his path?

608 A Yes, we -- we did not explicitly address their

609 conclusion that this was natural origin. And the reason for  
610 that is that that assessment would require a whole lot of  
611 additional information beyond what we looked at in this  
612 paper.

613 Q All right. There is some discussion on the  
614 bottom of page 1 and it flows into page 2 about furin  
615 cleavage site, or I think it's referred to here as a cleavage  
616 site for furin or polybasic cleavage site. I think that's  
617 all talking about the same thing. I just wanted to pin  
618 down -- and we've had to sort of learn as we go here because  
619 we don't necessarily have the scientific background, but the  
620 bottom of page 1, okay, "For a coronavirus to infect host  
621 cells" -- it's in the middle of that last paragraph -- "the  
622 spike protein must be activated by enzymes supplied by the  
623 host." And then at the top of page 2 there's a description  
624 of how that process in SARS-CoV-2 is affected by the furin  
625 cleavage site, if I understand that basic concept correctly.  
626 Good so far?

627 A Yes. Yes.

628 Q All right. I just wanted to -- it's just a  
629 really discrete narrow question, but is it correct that it  
630 is -- a furin cleavage site is not necessary in order for  
631 coronavirus spike proteins to be activated in a general  
632 sense? Like SARS1, for example, did not have a furin  
633 cleavage site; is that correct?

634 A Correct.

635 Q And the conclusion I draw from SARS1 not  
636 having a furin cleavage site is also correct, which is furin  
637 cleavage site not necessary in order for this process to  
638 occur in coronaviruses?

639 A Yes, for coronaviruses to infect human cells,  
640 not necessary.

641 Q Great. There's -- we've heard perhaps more  
642 than I ever wanted to hear elsewhere about furin cleavage  
643 sites. Something that we've heard about the SARS-CoV-2 furin  
644 cleavage site is that it is not ideal from an efficiency  
645 point of view, that it's been inserted out of frame. And I  
646 have not yet mastered exactly what that means. It has  
647 something to do with codons, as I understand it. But  
648 that -- and the specific amino acids within it, the PRRA, are  
649 not what -- if you were trying to design the ideal furin  
650 cleavage site, it would not be this. That it's inefficient  
651 in a sense. I don't know whether you, since writing this,  
652 have followed that line of argument or have any views on  
653 that.

654 A Well, this -- this was one of the arguments in  
655 Andersen's paper, not specifically on that -- on that  
656 sequence, but the receptor-binding domain more generally,  
657 that this would not have been predicted to be optimal for  
658 infecting human cells.

659           So if one were to use the tools that were available to  
660 scientists and to try to design the optimal sequence for  
661 human infection, one probably would not have come up with  
662 this. And that's an argument they advanced, and I don't  
663 disagree with that.

664           Q           Yes. So for the amino acid mutations in the  
665 receptor-binding domain, right, Andersen makes that argument  
666 in the paper. You address it in this working paper. But  
667 separately from that, I think since proximal origin was  
668 written there's been a discussion amongst the scientific  
669 community about the extent to which the furin cleavage site  
670 and its sequence is or is not ideal or ideally efficient.  
671 And there seems to be a view that it is not ideal or ideally  
672 efficient, and I'm wondering just whether you've followed  
673 that conversation; and if you have, what, if anything, that  
674 indicates to you on this broader topic.

675           A           I have not followed the science on that.

676           Q           Okay. This is a similar question. We've  
677 heard elsewhere that the literature -- since this proximal  
678 origin was written, since your working paper was written,  
679 there has been literature indicating that the furin cleavage  
680 site in SARS-CoV-2 when put through the process of serial  
681 passage in human-like cells, if I understand it, tends to go  
682 away, which folks have suggested to us indicates perhaps that  
683 it's sort of the opposite of what you would expect if you

684 were starting from a theory that it arose in passage. I'm  
685 wondering if you're familiar with that literature; and if so,  
686 whether you have a view on that in the context of this  
687 broader topic.

688           A           I only have a cursory familiarity with that  
689 literature and probably don't know it in enough detail to  
690 comment.

691           Q           Okay. The O-linked glycans, which I think are  
692 discussed somewhere in here -- maybe it's -- page 4 is what  
693 my notes tell me. Looks like two thirds of the way down the  
694 page there's a sentence in that paragraph -- the last  
695 sentence of that paragraph two thirds of the way down says,  
696 "We note also that some preliminary investigations have found  
697 evidence for the O-linked glycans predicted by Andersen,  
698 et al."

699                       So if I understand the situation at this time  
700 correctly, there's this thing called "O-linked glycans."  
701 They were predicted at the time to exist but had not  
702 necessarily been confirmed as to whether or not they actually  
703 existed. Andersen argued that if they were there, that had  
704 possible implications about the presence of an immune system.  
705 Our understanding is that since this time, it has been  
706 confirmed that they are present. I don't know whether you  
707 share that understanding.

708           A           That's my understanding. Again, not -- not

709 based on following this literature in detail since then. At  
710 the time there was some question, but my understanding is  
711 that there has been more confirmatory evidence since then.

712 Q A general question, which is have any of the  
713 views expressed here by yourself or your coauthor on the  
714 discrete scientific principles or concepts changed or shifted  
715 since this was written? Is there any discrete aspect of this  
716 that you would change a little bit if you were rewriting it  
717 today, or is it all constant?

718 A Well, this was -- yeah, this paper was based  
719 almost entirely on scientific literature that had already  
720 been published. And so unless there were new evidence  
721 suggesting that some of that prior research is not what we  
722 had understood it to be -- and again acknowledging that I  
723 haven't followed this in detail since moving on to my current  
724 assignment. But no, I think in large -- at a high level the  
725 assessments that I made here I still would support.

726 Q Great. This is a similar type of question,  
727 and it may depend on the extent to which you have followed  
728 this issue since then, but whether anything related to data  
729 surrounding the Huanan Seafood Market and related issues that  
730 go to questions of various distinct lineages that may or may  
731 not have been present in that physical area, whether any of  
732 that broad topic -- A, I guess have you followed that; and B,  
733 if so, how has that informed your thinking, if at all, on

734 this topic?

735 A I have followed that from a distance and not  
736 in any great detail, but that and other evidence I've seen  
737 doesn't really change the -- the thought that I had at the  
738 time was that everything we had seen was -- suggested that a  
739 natural origin is plausible and that a laboratory origin is  
740 plausible as well.

741 Q Is that more or less just -- I don't want to  
742 ask you to speculate on your own personal opinion, but if I  
743 were to ask you to do that, is that more or less where you  
744 sit today, both possibilities are plausible?

745 A That's where I am today.

746 [REDACTED]. We can go off the record.

747 (Discussion off the record.)

748 Mr. Benzine. We can go back on the record.

749 BY MR. BENZINE:

750 Q Thank you again thus far. I want to go  
751 through a little bit more of the substance of the paper and  
752 reask what minority counsel just asked a little bit just to  
753 stage that. Understanding that you haven't followed the  
754 evidence as closely, but your views in the paper of the  
755 evidence Dr. Andersen presented has not changed  
756 significantly?

757 A That's correct.

758 Q I want to walk through a couple specific

759 sentences and then a couple specific ideas in the paper.  
760 Your first sentence on the first page, "The origin of  
761 SARS-CoV-2 remains uncertain," is that still your view today?

762 A Yes.

763 Q And then the second sentence, "Some of its  
764 features are unique among the most closely-related known  
765 coronaviruses, and a progenitor virus has not been  
766 identified," is that still true today?

767 A Yes.

768 Q And then the last sentence in the first  
769 paragraph, "Prominent scientists have cited their paper,"  
770 meaning Dr. Andersen's paper, "as decisive support for a  
771 natural origin scenario," and then you cite, "(Calisher et  
772 al., 2020; Collins 2020)." Is the Calisher reference to the  
773 Lancet letter titled, "Statement in support of the  
774 scientists, public health professionals, and medical  
775 professionals of China combatting COVID-19"? Is it in the  
776 references?

777 A Yes, that's correct.

778 Q Okay. Are you familiar with that letter?

779 A I was more familiar with that at the time.

780 Q Okay. That letter stated, "We stand together  
781 to strongly condemn conspiracy theories suggesting COVID-19  
782 does not have a natural origin." Is the possibility of a lab  
783 leak a conspiracy theory?



784 A Oh, in my mind are you asking?

785 Q Yeah.

786 A No. No, I don't think so.

787 Q And then the reference to Collins in that  
788 line, is that Francis Collins?

789 A Yes.

790 Q And referencing a blog post that he did  
791 regarding proximal origins?

792 A Yes.

793 Q I want to ask a general question. You  
794 mentioned in the paper that the closest known relative at the  
795 time -- they've found some other ones, but RaTG13 being 96.2  
796 percent similar to COVID-19. Can you put into perspective  
797 what that means? Like, how similar is that? How dissimilar  
798 is that? What does 96 percent look like?

799 A Ninety-six percent sounds like -- like a lot  
800 of similarity, but -- but it's -- but it's too distant to be  
801 the direct progenitor for SARS-CoV-2 to have risen directly  
802 from that. Humans and chimpanzees are 99 percent similar, so  
803 it's still quite a ways away.

804 Q So that was going to be my question. That's  
805 kind of what we refer to, that it's a ways away. Humans and  
806 chimps are 99 percent similar. What is the difference in the  
807 human genome versus the SARS-CoV-2 genome? Are they -- like,  
808 how many different nucleotides, or whatever the right term

809 is, are they? So, like, the human genome is billions, I  
810 image. How many is COVID-19?

811 A I haven't seen anyone try to do that  
812 comparison.

813 Q Well, I'm just saying 96 percent on 10,000  
814 different nucleotides is different than 99 percent on 3  
815 billion.

816 A On a similar number -- or a different number.

817 Q Yeah.

818 A Yes, I'm not sure how one would do that  
819 comparison. It may well be that the -- there are experts who  
820 would know how to do that, but I -- I do not know.

821 Q No, I appreciate it.

822 I want to spend some time on each of the individual  
823 arguments that Dr. Andersen made and that you countered or  
824 rebutted, however you want to frame that. Starting on page  
825 2, the part of the paper starting with 3.1 and talking about  
826 the receptor- binding domain, you list out Dr. Andersen's  
827 argument, so I won't read it back to you, but -- and you kind  
828 of get into this, but does that argument rest on an  
829 unsubstantiated assumption?

830 A I thought so, yes.

831 Q Can you go into a little bit more detail as to  
832 why? Obviously it's written here, but if you have any detail  
833 beyond what you wrote down.

834           A           Well, they had pointed out that the  
835 receptor-binding domain would not have been predicted to be  
836 very good or optimal for infecting human cells. And for me  
837 that implied an assumption that if SARS-CoV-2, whatever was  
838 in lab, that it probably would have come about in that way  
839 where one might have a priori designed a sequence to infect  
840 human cells.

841           And that certainly is possible, but we showed examples  
842 of the literature of novel coronaviruses being developed in  
843 different ways, and what we -- what we found was more of an  
844 empirical approach where one might take a backbone virus, a  
845 coronavirus from one species and insert part of a coronavirus  
846 from another species to observe the effects, and all serving  
847 stated purposes of developing medical countermeasures or  
848 improving public health. But what we saw in scientific  
849 practice was much more of an empirical approach and  
850 not -- not an approach by design to achieve a specific  
851 function.

852           Q           So the reality was scientists more taking an  
853 approach to try to mimic natural recombination to see what  
854 those viruses would do in a human population?

855           A           Yes.

856           Q           Not with a stated goal of making the most  
857 effective coronavirus possible?

858           A           That's right.

859 Q The authors -- and you touch on it a little  
860 bit -- rest a lot of weight on the pangolin RBDs that came  
861 out around this time that were near identical or identical to  
862 what COVID-19 had. Can you explain how that particular virus  
863 doesn't rule out a laboratory-based scenario?

864 A Yes. So one of the -- the scenarios we laid  
865 out as plausible, and I think would still be plausible, is to  
866 begin with a bat origin coronavirus, something along the  
867 lines of RaTG13 but more similar to the -- or very, very  
868 closely similar to SARS-CoV-2, and then -- and then evaluate  
869 the effects of inserting a receptor-binding domain from  
870 another species, such as a pangolin. And that's consistent  
871 with work that we've seen published from various coronavirus  
872 research labs and would be consistent with the observed  
873 SARS-CoV-2 as well.

874 Q So one last on the RBD. Did any of the  
875 arguments put forth by the proximal origin authors regarding  
876 the receptor-binding domain rule out the laboratory-based  
877 scenario?

878 A Not in my assessment.

879 Q Moving forward to the furin cleavage site  
880 on -- starts on page 3 and marked 3.2 on the document. Kind  
881 of -- it's going to be similar questions on all these. Does  
882 the proximal origins argument regarding a furin cleavage site  
883 rest on unsubstantiated assumptions as well?

884 A I thought so, yes.

885 Q And you discussed this a little bit, but has  
886 there been a furin site observed in any viruses in the  
887 sarbecovirus family other than COVID-19?

888 A Not -- not to my knowledge.

889 Q Do -- does that lineage of coronaviruses, the  
890 sarbecoviruses, is it common for them to recombine outside of  
891 their lineage, if you know?

892 A As far as I know, that occurs.

893 Q Okay. My colleague talked about this a little  
894 bit, but in your experience is it possible to put enough  
895 laboratory-based selection pressure on a coronavirus without  
896 a furin site to gain one?

897 A I don't know if that's been observed for  
898 coronaviruses. It has been reported for other viruses.

899 Q And is it possible to insert a furin site into  
900 a virus without leaving a trace?

901 A Yes.

902 Q Do you know, like, how people would go about  
903 doing that, what the common process is?

904 A Well, the tools that are available now  
905 for -- for synthesizing viruses -- and these are commonly  
906 used by labs around the world, in contrast to older tools, do  
907 not leave any -- any marks, essentially no fingerprints of  
908 where those inserted sequences -- the boundaries of them are.

909 So one wouldn't be able to tell from the sequence.

910 Q Would there be other ways to tell if it was a  
911 naturally occurring furin site or laboratory constructed?  
912 Other than, like, the lab notebooks obviously would probably  
913 have it.

914 A Yeah, again, this is -- so this is not a deep  
915 area of expertise for me. But as far as I know, the answer  
916 is no.

917 Q And Dr. Andersen's primary argument, if I can  
918 summarize it maybe too plainly, is that we saw based off your  
919 insights in related coronaviruses, so it's possible that  
920 there was a furin site in this coronavirus; is that correct?

921 A Yes. Yes, they did note that other  
922 coronaviruses not as closely related to SARS-CoV-2 did have  
923 these sites.

924 Q But they presented no scientific evidence  
925 saying to back that the furin site in COVID-19 was a natural  
926 occurrence?

927 A That's correct. Well, they -- the one  
928 argument they did make was that -- that in order -- and I'm  
929 paraphrasing, but in the first paragraph of Section 3.2, that  
930 one would have had to begin with a progenitor virus very  
931 similar to SARS-CoV-2, and that such a virus had not been  
932 reported. And so -- and that was another argument that we  
933 saw as not scientific because it assumed that if a virus had

934 been -- if a progenitor virus had been identified, it would  
935 have been reported.

936 Q That's the backbone argument that they make,  
937 right?

938 A Yes.

939 Q I'll ask some questions about that in a  
940 second, but finishing out the furin site ones, same question:  
941 In your estimation, did the proximal origin authors'  
942 arguments regarding the furin cleavage site rule out a  
943 laboratory-based origin scenario?

944 A No, not in my mind.

945 Q Moving on to the reverse genetics system and  
946 backbone question on page 4 marked 3.3, same original  
947 question here: Does the proximal origins authors' argument  
948 rest on unsubstantiated assumption?

949 A Yes.

950 Q You touch on this a little bit, but just for  
951 the record, are -- in your experience, is every scientific  
952 research experiment published?

953 A No.

954 Q So it would be possible that there are novel  
955 backbones or novel reverse genetics systems that are out  
956 there but not published?

957 A Yes.

958 Q And even simpler than that, not necessarily a

959 novel backbone, but is it possible that researchers just used  
960 an unsequenced or unpublished coronavirus as the backbone?

961 A Yes.

962 Q Thank you. Going into kind of the wrapping up  
963 and going into the conclusions of the proximal origin paper  
964 and just want your opinion on the conclusions they made. So  
965 the first conclusion they had -- and if you want to read it  
966 on Exhibit 1, it's the last sentence on the second paragraph  
967 in the first column, and says, "Our analyses clearly show  
968 that SARS-CoV-2 is not a laboratory construct or a  
969 purposefully manipulated virus." At the time or now do the  
970 facts or science support that statement?

971 A I don't think so.

972 Q The second major conclusion is on the last  
973 page kind of, like, right in the middle of the second column  
974 and reads, "However, since we observed all notable SARS-CoV-2  
975 features, including the optimized RBD and polybasic cleavage  
976 site, in related coronaviruses in nature, we do not believe  
977 that any type of laboratory-based scenario is plausible." At  
978 the time or presently, do the facts or science support that  
979 statement?

980 A No.

981 Q To your knowledge -- and you touched on this a  
982 little bit in the paper -- has proximal origin been used as  
983 proof of a natural origin of COVID-19?



984           A           It has been used to support the argument for a  
985 natural origin by many, yes.

986           Q           Does proximal origin prove a natural origin of  
987 COVID-19?

988           A           No, I don't think so.

989           Q           Do you think in your expertise that proximal  
990 origin downplayed the possibility of a lab leak?

991           A           I think it was not balanced. And in my mind,  
992 the evidence presented in the paper is -- is consistent with  
993 a natural origin, but is also consistent with a laboratory  
994 origin and doesn't weigh one way or the other. And that was  
995 really the argument that we tried to make in our work and in  
996 the document.

997           Q           And then I'm going to ask for your own  
998 opinion. And if you don't want to give it, I will respect  
999 that you don't want to give it. What do you think is the  
1000 most likely COVID-19 origin scenario?

1001           A           I am about where I was back then, which was  
1002 pretty much down the middle.

1003           Q           All right. I'm going to ask a couple  
1004 questions about intelligence community involvement.  
1005 Hopefully it doesn't elicit anything classified. If it does,  
1006 just let me know. Since early 2020, the intelligence  
1007 community has been investigating the origins of COVID-19.  
1008 Are you aware of those efforts?

1009 A Yes.

1010 Q On May 26, 2021, President Biden announced  
1011 that he directed the intelligence community to redouble their  
1012 efforts to investigate the origins of COVID-19 and deliver an  
1013 assessment in 90 days. Are you generally aware of that  
1014 announcement?

1015 A Yes.

1016 Q On August 27, 2021 the Office of the Director  
1017 of National Intelligence released an unclassified summary of  
1018 this assessment. Are you aware of and you've read that  
1019 summary?

1020 A I did read it at the time, although by then I  
1021 was on to my current assignment, so I wasn't as involved.

1022 Q Okay. Probably the same answer to this next  
1023 one. On October 29, 2021, ODNI released a full declassified  
1024 assessment. Were you aware and did you read that one?

1025 A Yes.

1026 Q While you were at DIA -- and this can just be  
1027 a "yes" or "no" -- were you involved in the IC's origins  
1028 investigation?

1029 A No.

1030 Q While at DARPA have you been involved in the  
1031 IC's origins investigation?

1032 A No.

1033 Q Did you ever brief anyone in person or

1034 otherwise in the outside -- I kind of already asked  
1035 this -- but outside of NCMI or DIA about your working paper?

1036 A No.

1037 Mr. Benzine. We can go off the record.

1038 (Recess.)

1039 [REDACTED]. We can go back on the record.

1040 BY [REDACTED]:

1041 Q Commander, I have just a few more questions,  
1042 mostly about things that we touched on in the previous round.  
1043 First is there was a brief discussion about RaTG13,  
1044 96.-something percent genomic similarity to SARS-CoV-2, and a  
1045 little discussion about proportions; in other words, if the  
1046 human genome is a lot bigger than the viral genomes we're  
1047 talking about here, is it really apples to apples to be  
1048 comparing 96 here or 99 there. My only question is -- my  
1049 understanding, but my question is is it right to say that  
1050 regardless of the absolute number of nucleotides or total  
1051 volume of genetic material, that 96.2 percent overlap between  
1052 RaTG13 and SARS-CoV-2 tells us that RaTG13 is not the  
1053 progenitor virus for SARS-CoV-2; is that fair?

1054 A Yes, that's fair.

1055 Q With respect to the furin cleavage site, we've  
1056 talked a little bit about, okay, furin cleavage site has not  
1057 previously been observed at the sarbecovirus subgenus -- I  
1058 think that's the right term -- but has been observed one

1059 level up at the genus level, which I think is  
1060 betacoronaviruses. To the extent you have any views  
1061 on -- sometimes it's been challenging for us to weigh the  
1062 respective merits of that. How strange is it to see it for  
1063 the very first time in sarbecoviruses? How not strange is  
1064 that when you consider that they exist in the  
1065 betacoronaviruses? My understanding is they exist in at  
1066 least two of the four endemic human viruses, the common cold.  
1067 So do you have any views on how unexpected it would be to see  
1068 that for the first time, but it's really not the first time  
1069 when you look elsewhere on the tree?

1070           A           I don't think it would be very unexpected,  
1071 because we have sampled such a small portion of the  
1072 virosphere.

1073           Q           Some questions about sort of the precise  
1074 phrasing in the proximal origin paper. I guess first, we  
1075 focused on a line on the first page of that paper in the  
1076 second paragraph of the paper. It says towards the end of  
1077 that paragraph, "Our analyses clearly show that SARS-CoV-2 is  
1078 not a laboratory construct or a purposefully manipulated  
1079 virus." The precise meaning of "laboratory construct or  
1080 purposefully manipulated" I'll say as a reader has not always  
1081 been perfectly clear to me.

1082                       We have heard elsewhere that the phrase "purposefully  
1083 manipulated" was intended to mean the idea that a human,

1084 scientist, deliberately set out to build SARS-CoV-2,  
1085 constructed a genetic code that would then create SARS-CoV-2,  
1086 designed it to be exactly what it is, and that there was an  
1087 intent to communicate that that -- just for the phrase  
1088 "purposefully manipulated." Not "laboratory construct."  
1089 That apparently was supposed to mean something else. But  
1090 "purposefully manipulated" was intended to mean what I just  
1091 said.

1092           And things like the pangolin receptor-binding domain  
1093 with the same mutations, my understanding is that those same  
1094 mutations have since been found elsewhere in a bat virus, the  
1095 suboptimal computational predicted binding infinity  
1096 receptor-binding domain, do seem to strongly suggest that  
1097 that discrete scenario seems not to be the case. Does that  
1098 seem like a fair point of view to you as well?

1099           A           It's hard for me to assess what the intentions  
1100 of a scientist might have been. So this is why we stuck to  
1101 the published literature and didn't try to guess what may  
1102 have been in the minds of whoever may have worked on this  
1103 virus.

1104           Q           And I think to an extent -- and it's difficult  
1105 because it's almost divining meaning from words that don't  
1106 clearly communicate them. But to the extent that that phrase  
1107 would be read to indicate the method -- a theory of a method  
1108 that this particular virus was or was not created by the

1109 method of essentially original human design from scratch,  
1110 from the imagination of a human scientist, it seems as  
1111 if -- it seems fair to conclude that that is likely not to be  
1112 the case simply because these particular mutations are seen  
1113 to spontaneously exist or evolve in nature. Do you have a  
1114 perspective on that discrete narrow question?

1115           A           I think my own subjective sense is that  
1116 it's -- I would think it unlikely that someone dreamt up  
1117 the -- every detail of the SARS-CoV-2 genome and created  
1118 that. And what we attempted to show was that there were  
1119 other more plausible ways of getting to SARS-CoV-2.

1120           Q           One question is what did you -- if you recall,  
1121 because I know it was several years ago, but what did you  
1122 take proximal origin -- you may not have spent months of your  
1123 life thinking about this exact question, but what exactly did  
1124 you take proximal origin to be asserting? Because there's  
1125 language sprinkled in throughout this paper that could be  
1126 read to not always be saying the exact same thing.

1127                   There's what we've looked at, "Our analyses clearly  
1128 show that SARS-CoV-2 is not a laboratory construct or a  
1129 purposefully manipulated virus." There's language we looked  
1130 at says, "We do not believe any type of laboratory-based  
1131 scenario is plausible." At the same time, on the last page  
1132 of the paper they drop a sentence in that says, "It is  
1133 currently impossible to prove or disprove the theories of

1134 origin described here." They seem to have said, "We think  
1135 we've proved that it's not genetically engineered."

1136 But when we talk about serial passage in a lab versus  
1137 the various zoonotic possibilities, they also say it's not  
1138 possible to prove or disprove those other theories. And so  
1139 we've heard occasionally an argument that, "Hey, we weren't  
1140 saying it's definitely not a lab scenario. We're just saying  
1141 that's just not the one that we find to be plausible." I'm  
1142 just curious, you as a reader, where you felt that that paper  
1143 fell on that spectrum.

1144 A Yeah, there were some inconsistencies there,  
1145 and we tried to focus on the statements that were more clear.  
1146 But what exactly they intended to assert, what precisely the  
1147 argument was was not always clear.

1148 And I think what we attempted to show was that -- was  
1149 that a laboratory origin, which could involve many  
1150 different -- many different ways of getting to SARS-CoV-2,  
1151 was plausible, and that the plausibility of that scenario is  
1152 not lessened by the arguments set forth in the paper.

1153 Q And that flows nicely, I think, into my last  
1154 question, which is just that -- I think the answer to this is  
1155 clear, but you would not have had any personal involvement or  
1156 personal knowledge of the drafting process, whether  
1157 scientific, psychological or otherwise, of the proximal  
1158 origin paper; is that right?

1159 A Correct.

1160 [REDACTED] [REDACTED]. We can go off the record.

1161 (Whereupon, at 11:19 a.m. the interview was  
1162 concluded.)